

Complete Libicki Cross-Examination  
Transcript, PCHB No. P19-087c  
(4-27-2021)

## Hearing - Day 10

# Advocates for a Cleaner Tacoma, et al. v. Puget Sound Clean Air Agency, et ano.

April 27, 2021



**206.287.9066 | 800.846.6989**

1325 Fourth Avenue, Suite 1840, Seattle, Washington 98101

[www.buellrealtime.com](http://www.buellrealtime.com)

email: [info@buellrealtime.com](mailto:info@buellrealtime.com)



POLLUTION CONTROL HEARINGS BOARD  
FOR THE STATE OF WASHINGTON

---

ADVOCATES FOR A CLEANER TACOMA;	)	
SIERRA CLUB; WASHINGTON	)	
ENVIRONMENTAL COUNCIL; WASHINGTON	)	
PHYSICIANS FOR SOCIAL	)	PCHB NO. P19-087C
RESPONSIBILITY; STAND.EARTH; and	)	
THE PUYALLUP TRIBE OF INDIANS,	)	
	)	
Appellants,	)	
	)	
v.	)	
	)	
PUGET SOUND CLEAN AIR AGENCY, PUGET	)	
SOUND ENERGY,	)	
	)	
Respondents.	)	

---

VIDEOCONFERENCE HEARING

DAY 10

Pages 2330 - 2644

OLYMPIA, WASHINGTON

April 27, 2021

8:03 a.m.

REPORTED BY: CRYSTAL R. MCAULIFFE, RPR, CCR 2121

1 back at 12:50, because I'm going to keep us all on a  
2 tight time rein. With that, we are off the record.

3 (A luncheon recess was taken from  
4 11:48 a.m. to 12:50 p.m.)

5 THE COURT: Okay. Let's go back on the  
6 record. And I know that we're ready for  
7 cross-examination of Dr. Libicki. But I'm going to let  
8 her arrange her camera to her satisfaction first.

9 Mr. Thomas, are you going to be questioning  
10 or is Mr. Bridgman? I see you both unmuted.

11 MR. BRIDGMAN: I apologize, Your Honor. I  
12 thought we were going to talk about demonstratives for a  
13 couple minutes, but I will mute and go later.

14 THE COURT: I was hoping to talk about those  
15 after Dr. Libicki is finished.

16 MR. BRIDGMAN: Yes, of course.

17 THE COURT: Okay. Dr. Libicki, you're ready  
18 to go?

19 THE WITNESS: I am. Thanks for the delay.  
20 Every time the video goes down, the camera resets.

21 THE COURT: You have to start over.

22 Mr. Thomas, please go ahead.

23 C R O S S - E X A M I N A T I O N

24 BY MR. THOMAS:

25 Q. Dr. Libicki, good afternoon. Good to see you

1 again.

2 A. Afternoon.

3 Q. Would it be fair to say that you did not assist  
4 with PSE's permit application for the Tacoma LNG  
5 facility?

6 A. I did not assist with the permit application.

7 Q. Okay. And a little earlier you discussed  
8 fugitive emissions at refineries with Ms. Mallick.

9 Is Tacoma LNG a refinery, in your view?

10 A. No, it is not.

11 Q. And would it be fair to say that all of your  
12 work concerning Tacoma LNG occurred after the issuance  
13 of the Order of Approval being appealed in this case?

14 A. Yes, that's correct.

15 Q. Okay. And would it be fair to say that your  
16 written testimony in this case is based, to some extent,  
17 on work that you performed after your deposition with me  
18 on January 14th of this year?

19 A. I'm sorry. Can you repeat the question?

20 What part of my work?

21 Q. Just -- just an appreciable portion of it was  
22 performed after January 14th; is that --

23 MS. WATKINS MALLICK: Objection to form. I  
24 don't know what "appreciable portion" means.

25 THE COURT: Can you rephrase, Mr. Thomas?

1 MR. THOMAS: Sure.

2 BY MR. THOMAS:

3 Q. Dr. Libicki, you know what, I'll leave that.

4 When we spoke at your deposition, you told me  
5 you had never been to the Tacoma LNG site or the Port of  
6 Tacoma.

7 Do you recall that?

8 A. I do.

9 Q. And have you been to the Port of Tacoma since  
10 your deposition to personally observe Tacoma LNG and its  
11 surroundings?

12 A. Well, in as much as we can call a FaceTime tour  
13 personally in the age of COVID, yes. I haven't been  
14 fully vaccinated but for a couple of weeks now, so I  
15 took a tour on FaceTime.

16 Q. Okay. Correct me if I'm wrong, but you did not  
17 personally run the air dispersion modeling underlying  
18 the testimony you provided today.

19 Do I have that right?

20 A. What do you mean by personally running?

21 Q. Did you set up the model and press go?

22 A. No, I did not set up the model and press go.

23 Q. Well, can you tell us who actually ran the  
24 modeling analysis that you're testifying about?

25 A. Sure. It was a woman in our staff at our

1 Lynnwood office.

2 Q. In your what office?

3 A. Lynnwood. Lynnwood, Washington. It is right up  
4 near the facility.

5 Q. Okay. So just one person?

6 A. Well, she was the one who physically put the  
7 parameters in the monitor and pressed go. I believe  
8 that's what you asked.

9 Q. I see.

10 And are you a professional engineer,  
11 Dr. Libicki?

12 A. I am not.

13 Q. Do you hold any professional certificates?

14 A. Other than my Ph.D., no.

15 Q. Okay. Would it be fair to say that you are also  
16 an expert in how drugs are transported through human  
17 skin in the pharmaceutical context?

18 A. Well, that's what I did my first work on out of  
19 graduate school, and that's what I have patents in. But  
20 I haven't kept up with the science in that area  
21 probably, 30 years right now.

22 Q. And would it be fair to say that you have no  
23 educational background in meteorology?

24 A. I have not taken a course in meteorology, per  
25 se; however, meteorology is simply fluid dynamics, and

1 that I've taken a number of courses in.

2 Q. And you're not employed by the Environmental  
3 Protection Agency; correct?

4 A. I am not employed by the EPA.

5 Q. And in your testimony here today, you're not  
6 purported to speak for the EPA, are you?

7 A. No.

8 Q. And have you spoken with the EPA about whether  
9 it considers Tacoma LNG to be a fuel conversion  
10 facility?

11 A. I have not.

12 Q. Okay. Does EPA routinely retain you as an  
13 outside consultant?

14 A. So I have some grants that I work with from the  
15 EPA. Actually, not grants to me, but I'm a  
16 subcontractor on them, so I suppose.

17 Q. Okay. And at your deposition you told me that  
18 Tacoma LNG was the first time you performed work  
19 specifically assessing emissions from a methane  
20 liquefaction facility; correct?

21 MS. WATKINS MALLICK: Objection to form.  
22 Methane liquefaction facility.

23 MR. THOMAS: Your Honor, it is what Tacoma  
24 LNG is. They turn methane into liquid methane. The  
25 process is called "liquefaction," if --



1 THE COURT: I'm going to allow it.

2 THE WITNESS: So I have to apologize,  
3 Mr. Thomas. Can you repeat the question?

4 MR. THOMAS: Sure. Yes.

5 BY MR. THOMAS:

6 Q. Is Tacoma LNG the first time that you performed  
7 work specifically assessing emissions from an LNG  
8 facility that makes LNG, like Tacoma LNG?

9 A. So as much as we're talking about the facility  
10 in total, I don't recall doing any work on a methane  
11 facility. However, each and every one of the processes  
12 in the facility I think I've worked on.

13 Q. And you and Ms. Mallick discussed Jordan Cove a  
14 bit, and you've provided a fair amount of testimony  
15 regarding Jordan Cove. But you did not work on the  
16 permitting of Jordan Cove; correct?

17 A. That's correct.

18 Q. Okay. And do you understand that Tacoma LNG is  
19 not an export facility subject to FERC jurisdiction?

20 MS. WATKINS MALLICK: Objection.  
21 Foundation.

22 THE COURT: Mr. Thomas?

23 MR. THOMAS: Your Honor, it's  
24 cross-examination of -- of items that Ms. Mallick  
25 discussed with the witness in depth. I'm asking the

1 witness what her understanding is based on that  
2 testimony.

3 THE COURT: I don't think you talked about  
4 whether it had FERC jurisdiction. But with that said,  
5 I'm going to let her answer it to the best of her  
6 ability.

7 THE WITNESS: Yeah. So I think I'm going to  
8 agree with exactly what you said. I don't think I  
9 talked about FERC jurisdiction. I don't have knowledge  
10 of that area.

11 BY MR. THOMAS:

12 Q. Do you understand that Tacoma LNG will not be  
13 exporting its LNG project?

14 A. So if you're using the term "export" in a  
15 technical sense, I'm afraid I can't answer that because  
16 I don't know what constitutes export in a technical  
17 sense.

18 Q. Okay. Do you understand that the LNG produced  
19 at LNG needs to meet a methane number of 80?

20 A. I have read that in the record.

21 Q. What specification is Jordan Cove's LNG that  
22 it's producing? What specification is that LNG required  
23 to meet, if you know?

24 A. I'm not sure I know that.

25 Q. I won't ask you any more questions about it.

1 I would like, if we could, to take a look at  
2 PSE 347. So your written direct.

3 Do you have that handy?

4 A. I do.

5 Q. Okay.

6 A. So you have to excuse me because I'm going to be  
7 switching from close in and far out.

8 Q. And I can ask Ms. Perloff to please put it up on  
9 the screen.

10 A. I've actually got it in front of me.

11 Q. And I'd like to go to page 77 of your written  
12 testimony.

13 And, Dr. Libicki, I would like to ask you about  
14 your testimony at line 16 where it says, quote, "What  
15 the Tribe thinks the flare can do is not relevant here."

16 Do you see that that?

17 A. I do.

18 Q. And would it be fair to say that you have done a  
19 lot of work assessing the flare's emissions in this  
20 case?

21 A. So I've done a fair amount of work looking into  
22 what the flare can do. In terms of its destruction  
23 efficiency and in terms of what's coming out of the  
24 flare.

25 Q. And you've got a Ph.D. in chemical engineering,

1 if I'm not mistaken.

2 A. That's correct.

3 Q. Given all the work that you've done, do you  
4 understand that Tacoma LNG's flare will emit carcinogens  
5 to the airshed?

6 A. So in -- in our world, when we talk about  
7 toxics, we can talk about them two ways. We can talk  
8 about the single molecule, which is to say that there  
9 are definitely molecules of chemicals that have been  
10 classified as carcinogens or possible carcinogens in the  
11 exhaust of the flare.

12 The second way we talk about carcinogens is:  
13 What's the concentration? Because there are things in  
14 the air all around us that are carcinogens. And that's  
15 why we have the TAPs and the ASILs and the evaluation.

16 So the simple emissions of carcinogens -- of  
17 course we would like zero everywhere -- is not something  
18 we normally talk about.

19 Q. But will it?

20 A. There are -- so I'm going to use the benzene,  
21 which I think is either a suspected carcinogen or a  
22 known carcinogen. I'm not sure what the classification  
23 is. There is benzene in the exhaust.

24 Q. Did you write this sentence?

25 A. Did I write this sentence? I -- I certainly

1 reviewed the sentence and agreed with it. I couldn't  
2 tell you whether I wrote it or not. This is 200 pages.

3 MS. WATKINS MALLICK: I'm going to object to  
4 the extent that Mr. Thomas is asking questions about  
5 Dr. Libicki's work with counsel in preparation of her  
6 pre-filed testimony.

7 MR. THOMAS: I'll move on.

8 BY MR. THOMAS:

9 Q. Dr. Libicki, do you know where the nearest  
10 tribal property is located relative to the flare?

11 A. I have read -- I've seen a demonstrative on  
12 that. And that's the level of my knowledge.

13 Q. So -- so not exactly?

14 A. Well, to the extent the demonstrative is  
15 correct, I believe it came from -- from your side, then  
16 perhaps exactly, but that's the level of my knowledge.

17 Q. And where is that property located?

18 A. You know, again, I recall seeing on the  
19 demonstrative that it was across the channel. And  
20 either below the bluff -- I think it was actually below  
21 the bluff. But again, I'm not positive that I recall  
22 that.

23 Q. Let's -- let's go to page 14 of this same  
24 document.

25 And, Dr. Libicki, do you see at page 10 you

1 write: "Dr. Sahu's opinion, if followed, would  
2 invalidate permits and regulations across the country  
3 that rely on such parametric monitoring."

4 A. Yes. Okay. I just want to make sure I got it.  
5 Sorry.

6 Q. How is it exactly that Dr. Sahu's opinions could  
7 possibly do that?

8 A. Well, this is -- this is if it were followed to  
9 its logical conclusions. So Dr. Sahu says parametric  
10 monitoring is not an appropriate way of monitoring for  
11 destruction.

12 Well, if it is not an appropriate way to monitor  
13 destruction, there are lots and lots of permits that use  
14 it as the way to monitor destruction. So that's what  
15 that line meant.

16 Q. So permits and regulations won't actually be  
17 invalidated if Dr. Sahu is agreed with?

18 A. This is just following it to its logical  
19 conclusion. If it can't be used, period, then there's a  
20 lot of permits out there that would be valid.

21 Q. We can take this document down for a few  
22 minutes.

23 Dr. Libicki, what is the height of the flare  
24 stack?

25 A. I believe it's 105 feet.

1 Q. All right. Can you tell me the height of the  
2 LNG tank?

3 A. You know, off the top of my head, I don't  
4 recall.

5 Q. Is it your understanding that the tank is taller  
6 than the flare?

7 A. Again, I just don't recall.

8 Q. Okay. Have you performed -- having performed  
9 all this air dispersion modeling, can you tell us the  
10 distance between the LNG tank and the flare?

11 A. So I mean, I've seen it on the site map. I  
12 wouldn't want to speculate. That's a knowable answer.

13 Q. Are you familiar with the term "building  
14 downwash"?

15 A. Yes.

16 Q. Can you tell us what building downwash is?

17 A. So building downwash is a phenomena just as it  
18 sounds like; and that is, when you have a building or a  
19 structure that is sufficiently large to result in impact  
20 on the exhaust from the flare, then -- then the flare  
21 exhaust is impacted.

22 Q. All right. When you performed the air  
23 dispersion modeling that corrected Landau's wind  
24 direction flip, did you include building downwash in  
25 each of the modeling runs?

1 A. We used the model set up exactly as Landau did  
2 it with --

3 Q. Did they account for it?

4 A. You know, I just don't recall. I'm sorry,  
5 because that's just not something we worked with.

6 Q. All right. So you can't tell me one way or the  
7 other whether if Landau accounted for building downwash?

8 A. Again, it's knowable. I just don't recall.

9 Q. And you performed the sensitivity analysis that  
10 you and I talked about back in January; correct?

11 A. Yes.

12 Q. Okay. And back in January that work, I believe,  
13 showed modeled impacts above screening thresholds for  
14 PM 2.5, SO<sub>2</sub>, and NO<sub>x</sub>.

15 Does that comport with your recollection of that  
16 work?

17 A. It was more limited than that, because it showed  
18 model levels above the 113 thresholds for limited  
19 averaging periods for those pollutants.

20 Q. Okay. In the performance of that work, did you  
21 include building downwash in each of the modeled runs?

22 A. So, again, we simply changed those two  
23 parameters in Landau's work. And because we changed  
24 those two parameters, I just don't recall whether it was  
25 there or not, because we just changed the two parameters



1 that we did.

2 Q. Okay. Let's take a look at something. If you  
3 had accounted for the building downwash in the modeling  
4 runs that you performed, would those downwash files have  
5 been produced to the Tribe in this case?

6 A. All of our modeling files were produced to the  
7 Tribe. Now, again, I want to point out, if what we used  
8 was the input files that Landau used, and they used  
9 building downwash, then their building downwash would  
10 have been our building downwash. So again, we did  
11 whatever they did.

12 Q. Let's talk a little bit about your addendum in  
13 connection to the wind flip. If you could take a look  
14 at PSE 373 with me. And let's take a look at page 6.

15 A. That's my addendum; correct.

16 Q. It is.

17 A. Okay.

18 Q. And Mr. Perloff can put it up on the screen if  
19 that is easier for you.

20 Page 6, lines 13 to 16 you write, quote, "As you  
21 can see on Dr. Sahu's map, the Tacoma LNG site is  
22 located between the buoy monitor and the tideflats  
23 monitor."

24 Do you see that?

25 A. Are we on page 6?

1 Q. Yeah. Line 13 to 16.

2 A. Yes.

3 Q. Okay. So it's your belief that Tacoma LNG is  
4 located between the NOAA buoy and the tideflats monitor?

5 A. Between, not exactly on a line. But generally  
6 between.

7 Q. Okay. I see.

8 Compared to the NOAA buoy, is Tacoma LNG closer  
9 to the bluff across the Hylebos Waterway from the  
10 facility? I think you were talking about a waterway  
11 earlier.

12 A. I'm sorry. Could you ask your question again?  
13 I got lost in the middle of it.

14 Q. Yes. Compare -- you were talking about a  
15 waterway and a bluff. I'm asking about that bluff.

16 Compared to the NOAA buoy, is Tacoma LNG closer  
17 to the bluff you were discussing with me earlier?

18 A. You know, I wouldn't want to say it without  
19 actually looking straight at a map. I'm trying to put  
20 the map into my brain. I believe that it is slightly  
21 closer. But again, I would want to look at the map.

22 Q. Okay. And same question for the tideflats  
23 station.

24 Can you tell me, is Tacoma LNG closer to the  
25 bluff than the tideflats station?

1 A. I just want to look at a map, because I think  
2 there is a map in here somewhere.

3 Q. Okay.

4 A. If not here, then it is somewhere else.

5 Q. Dr. Libicki, I'm short on time. If you can  
6 locate a map, great, but can we continue talking.

7 A. Sure.

8 Q. All right. And I want to keep talking about 373  
9 here.

10 And if we could take a look at page 5.

11 And do you see where you write, quote, "The data  
12 shows that the wind direction data are nearly identical  
13 for most hours"?

14 A. I'm sorry. What line on page 5?

15 Q. It is the very last thing you say on this page.

16 A. I see. Right. Thank you. Yes.

17 Q. Do you see that?

18 A. Yes, I do.

19 Q. Okay. And down below there's a Figure 3 that  
20 supports that testimony; is that correct? The idea for  
21 Figure 3.

22 A. Yes.

23 MR. THOMAS: Okay. So let's go through  
24 this. And, Mr. Perloff, if you wouldn't mind scrolling  
25 down to the next page for me.

1 BY MR. THOMAS:

2 Q. Let's take a look at this figure. And I think  
3 you talked about it with Ms. Mallick for a bit. So the  
4 tallest bar is the one for negative 20 to zero and then  
5 for zero to 20.

6 What do those represent?

7 A. So this is the wind direction difference. And  
8 so "zero to 20" means somewhere between zero and  
9 20 degrees off, and that's the same true from zero to  
10 minus 20. It just depends on the direction.

11 Q. So if the wind was exactly the same -- I just  
12 wanted to make sure I understand, would we -- between  
13 the NOAA buoy and the tideflats buoy, we would probably  
14 just see one big bar on this chart; correct?

15 A. You would actually see two big bars, because of  
16 the way this was done. Again, we took Dr. Sahu's format  
17 here. And it's not necessarily the format that I would  
18 have chosen. I might have done minus 10 to plus 10 so  
19 you could see that one big bar was identical. But  
20 because of the format that Dr. Sahu chose, you would  
21 have two big bars because it would fall on either side  
22 of zero, so.

23 Q. Did you report the actual numbers that created  
24 these bars anywhere?

25 A. So -- I'm sorry. I'm not quite sure I

1 understand the question.

2 Q. Yeah. So we see the tallest bar is about, I  
3 don't know, 3300; fair?

4 A. Sure.

5 Q. And I'm just wondering, is that 3300  
6 memorialized anywhere or is the only thing that we have  
7 these bar graphs?

8 A. You know, this was -- I would have to think  
9 about how this was done. It's possible that all you  
10 have is the bar graphs because the counting was done  
11 dynamically. I just don't remember.

12 Q. All right. So we've got the one to the left is  
13 about 3300 hours, and would you agree with me that the  
14 second tallest bar is about 2300 hours; fair summation?

15 A. Yes.

16 Q. So adding those two up, would it be fair to say  
17 that we're looking at approximately 5600 hours between  
18 those two bars?

19 A. That's right.

20 Q. Okay. And would you agree with me that with the  
21 exception of leap years, there are 8,760 hours in a  
22 year?

23 A. That's correct.

24 Q. Okay. So that leaves over 3,000 hours per year  
25 where the wind direction is between the NOAA buoy and

1 the tideflats monitor diverge by more than 20 degrees;  
2 is that fair?

3 A. So around 3,000 hours; right. You made the  
4 calculation of that 5,600. It could be 5,700. It's  
5 around 3,000 hours. But I did say -- I believe when I  
6 testified it today that part of the reason it may not be  
7 on is because of that tree at the buoy that would affect  
8 wind directions.

9 And so, you know, it's pretty darn close but not  
10 fully close. Possibly because of that tree.

11 Q. Okay. And if -- if I have some time, I would  
12 like to talk to you about that tree.

13 But I want to stay on this for the time being.

14 So about 3,000 hours where we're more than  
15 20 degrees different. And we see pretty much the same  
16 phenomenon in your figure concerning wind speed; do we  
17 not?

18 There's a number of hours where the wind speed  
19 at the NOAA buoy and the tideflats are blowing at  
20 different speeds; fair to say?

21 A. So let's take a step back and remember what this  
22 is. Remember, I didn't choose these buckets. So zero  
23 to 20 degrees is out of a 360-degree circle; so that's  
24 1/18th of a circle. So this is -- you know, Dr. Sahu's  
25 choice as to what constitutes consistent, not mine.

1 Q. Understood.

2 A. So when we talk about what those bars are and  
3 what they represent, that's an arbitrary choice of what  
4 constitutes the correct buckets.

5 Q. Let's -- let's take this down. And I want to  
6 talk a little bit more about your -- your new modeling.

7 Let's take a look at --

8 MR. THOMAS: I'm sorry. Mr. Perloff, can we  
9 look at page 9 of the same document? Table 1.

10 BY MR. THOMAS:

11 Q. Dr. Libicki, for the modeling that you performed  
12 after the wind correction -- I think you said this  
13 earlier -- did I understand correctly you used all the  
14 same inputs as Landau, you just made the wind blow in  
15 the right direction?

16 A. So there was two sets of modeling we provided --

17 Q. I'm talking about Table 1 right now.

18 A. So let's look at Table 1. That's correct.

19 Q. Okay. And you used the same emission  
20 calculation --

21 A. You know what? I think I should note that we  
22 used the current version of AirMod. Which we don't  
23 expect to have any difference. But since you  
24 asked exactly, I did want to point that out.

25 Q. Okay. So this did not exactly replicate

1 Landau's work?

2 A. Except for the fact we used the current version  
3 of AirMod.

4 Q. I see. And you used the same emission  
5 calculations including emission factors that Landau  
6 used; correct?

7 A. That's right.

8 Q. Did your outfit, Ramboll, perform any emission  
9 calculations of its own in this case?

10 A. When you talk about any emissions calculations,  
11 we did do the calculations that I talked about, which  
12 was evaluating the impact of the sensitivity of  
13 emissions to the things that Dr. Sahu raised.

14 Q. Okay. Anything else?

15 A. You know, again, everything we did was in  
16 response to things that Dr. Sahu raised his questions.

17 Q. Okay. All right. Looking at Table 1, am I  
18 right that correcting the wind direction moved SO2  
19 emissions closer to the screening threshold for one-hour  
20 and 24-hour SO2?

21 A. You said SO2 for which averaging period?

22 Q. One-hour and 24-hour.

23 A. Could you ask me the question one more time?

24 Q. Yeah. I think you stated it in direct.

25 Anyway, my question is: Correcting the wind



1 direction, am I right that the modeled impacts for SO2  
2 for one-hour and 24-hour moved closer to the threshold  
3 than what was representative in Landau's modeling  
4 results?

5 A. I'm going to have -- because that's not what I'm  
6 showing in this table, but I can look at different  
7 tables to confirm it.

8 Q. If you don't know, I don't want to belabor it.  
9 We can move on. I'm trying to understand your work and  
10 how the results differed from what Landau did.

11 A. Sure.

12 Q. Looking at SO2, can you tell me the physical  
13 location where those modeled impacts occurred, those  
14 maximum impacts?

15 A. So, yes.

16 Q. Okay.

17 A. I mean, I can't tell you as I sit here. I  
18 looked at that.

19 Q. Okay. All right. And then below, let's --  
20 let's take a look at what you did in Table 2. And you  
21 coupled the correct wind direction with Dr. Smith's  
22 stack parameters.

23 Do I understand that right?

24 MR. THOMAS: Mr. Perloff, I think maybe we  
25 have to go down maybe one more page.

1 THE WITNESS: Are you on page 12, perhaps?

2 BY MR. THOMAS:

3 Q. Is that where the work is?

4 A. That's what I see in mine.

5 Q. Scroll down to page 12. Great. Thank you. All  
6 right.

7 So you coupled the correct wind direction with  
8 Dr. Smith's stack parameters?

9 A. Yes, I did.

10 Q. Is that the point? Okay.

11 And what were the differences between  
12 Dr. Smith's stack parameters and those used by Landau in  
13 its modeling?

14 A. Dr. Smith's parameters were different than what  
15 was used in Landau's modeling because they accounted for  
16 a more refined evaluation of both exhaust and  
17 temperature.

18 Q. Okay. How so? Specifically, how were Smith's  
19 parameters different from Landau's?

20 A. Are you asking me to cite the numbers for each?

21 Q. How were they different?

22 A. The temperatures were different. I can go  
23 through each case, if you want to. But I can't do that  
24 without the numbers in front of me.

25 Q. No. I'm just trying to understand your work.

1           So were Dr. Smith's temperatures higher than  
2 Landau's? Cooler than Landau's?

3           A.    So these exhaust temperatures were generally  
4 lower, but I hesitate to say that they all were. I just  
5 have to double-check.

6           Q.    I see. Looking at Table 5, it appears -- and  
7 I'm comparing now between Table 1 and Table 5 in this  
8 document. It appears the results that we're looking at  
9 for SO2 one-hour and 24-hour got even closer to the  
10 screening thresholds when you modeled the stack  
11 parameters from Dr. Smith; is that fair?

12          A.    So the -- as you can see, when you compare  
13 Table 1 and Table 5, I think that's what we're  
14 comparing; is that correct?

15          Q.    Yeah.

16          A.    As you can see, when we're comparing Table 1 and  
17 Table 5 of that report, the maximum for one-hour SO2  
18 increased from 26 micrograms per cubic meter to 28  
19 micrograms per cubic meter.

20          Q.    So two closer?

21          A.    Seven percent, maybe, about the right number.

22          Q.    And then for PM 2.5, the 24-hour modeled impact  
23 stayed above the threshold; correct?

24          A.    So that is correct. That the PM 10, 24-hour was  
25 one-tenth a microgram per cubic meter above the

1 threshold of 1.2.

2 Q. And did you watch Dr. Smith's modeling video for  
3 flaring Case 5 when he testified on Friday?

4 A. I did.

5 Q. Okay. Having seen Dr. Smith's video, do you  
6 still believe it was appropriate for Landau to use  
7 1600 degrees as the exit gas temperature in its air  
8 dispersion modeling runs?

9 THE COURT: Mr. Thomas, you broke up in the  
10 middle of that -- in the middle of one of your words. I  
11 think you need to say the question again.

12 MR. THOMAS: Absolutely.

13 BY MR. THOMAS:

14 Q. Dr. Libicki, having seen Dr. Smith's video, do  
15 you believe it was appropriate for Landau to use  
16 1600 degrees as the exit gas temperature in its air  
17 dispersion modeling runs?

18 A. So I would have to leave that whether it's  
19 appropriate or not to somebody like Dr. Smith, because  
20 that's figuring out what the exhaust temperature of a  
21 flare is. It is not my area of expertise; however,  
22 that's exactly why we do the runs to say it doesn't make  
23 a difference. And at the end of the day, it did not  
24 make a difference to the outcome of the results.

25 Q. With regard to the NAAQS, is what you're saying;

1 correct?

2 A. It didn't make a difference with regard to  
3 the --

4 Q. The results changed, did they not?

5 A. It didn't make a difference with regard to  
6 whether it passed the screening threshold of 113 or  
7 compliance with the NAAQS.

8 Q. All right. And then in your work, Landau did  
9 not do this; right?

10 In your work, you took the modeling result and  
11 added modeled impacts to background and then compared  
12 those to the ambient air quality standards; do I  
13 understand that correctly?

14 MS. WATKINS MALLICK: Objection. Objection.  
15 I don't know what work Mr. Thomas is referring to.

16 MR. THOMAS: Your Honor, we're still talking  
17 about the -- the Table 5 and -- and the -- the work in  
18 her addendum that I've been discussing with the witness  
19 for the last 20 minutes.

20 THE COURT: Well, that wasn't in the  
21 question, so. Okay. You've clarified for me.

22 BY MR. THOMAS:

23 Q. All right. Dr. Libicki, in your air dispersion  
24 modeling analysis, you took the modeled impacts, added  
25 them to background and compared those to ambient air

1 quality standards; do I have that right?

2 A. That's right.

3 Q. Okay. All right. I would like to go back to  
4 your originally pre-filed testimony, PSE 374. And I  
5 would like to take a look at page 87 of that pre-filed  
6 testimony. All right.

7 And I'd like to look at lines 1 through 6. And  
8 I guess I'll just ask the question, if you wouldn't mind  
9 reading the answer.

10 This asks: "Does the model used to estimate  
11 background concentration data take into account all  
12 sources of emissions?"

13 And your answer was?

14 A. "Yes. The emissions that are included in the  
15 model come from industry, traffic, and even the small  
16 emissions that come from housing and offices."

17 Q. All right. Thank you.

18 And the model you are discussing here is the  
19 Northwest AIRPACT model; do I have that right?

20 A. Yes and no. That -- that's the model, but the  
21 background data ultimately includes more than just the  
22 model.

23 Q. Okay. And is this AIRPACT -- okay. I guess  
24 maybe explain that to me. Because this is your  
25 testimony and the work that you performed, did you use

1 multiple models or just AIRPACT or used the AIRPACT  
2 model with AIRQUEST northwest design values; is that  
3 what you're saying?

4 A. Again, not exactly. Because the model itself is  
5 purely modeling. The background concentrations that  
6 were derived was a combination of modeling and  
7 monitoring data. And, unfortunately, there's -- sorry  
8 about that. There's no neat way to refer to that.

9 So -- so Northwest AIRQUEST is shorthand, but  
10 that's just for the model and not for the incorporation  
11 of background data from the monitoring.

12 Q. Okay. But in response to this Q and A in your  
13 written testimony, the model you're discussing is  
14 Northwest AIRPACT; is that right?

15 A. Kind of. Right. Northwest AIRPACT provides the  
16 modeling basis, but it's combined with the monitoring  
17 data to describe that. And that's what ultimately  
18 produces the background information that we used.

19 Q. Okay. Is the Northwest AIRPACT model run every  
20 day, if you know?

21 A. So the -- the model isn't -- the data that we  
22 use is from a three-year period from 2014 to 2017. And  
23 so that's the model we used. So is it run every day?  
24 Maybe. But that's not the data that we used. We used  
25 data from 2014 to 2017.

1 Q. Okay. Is daily marine traffic in the Port of  
2 Tacoma included in the AIRPACT model?

3 A. So the way these inventories work is that --  
4 there is an inventory assessment that includes the range  
5 of information that the agencies have. In that time  
6 period, it typically included marine traffic. But as I  
7 sit here today, I couldn't tell you for sure.

8 MR. THOMAS: Mr. Perloff, if we could please  
9 take that down. And I would like I call up PTI 602.

10 BY MR. THOMAS:

11 Q. And, Dr. Libicki, your written testimony at page  
12 84 hyperlinked to this, and I would like to ask you a  
13 couple of questions on it.

14 Are you familiar with this document? And can  
15 you please identify it?

16 A. I am. I don't know the name of it other than  
17 listed above. It's part of the hyperlink for this site  
18 that essentially answers questions about how the data  
19 was created.

20 Q. Okay. And let's -- let's go down to page 11 of  
21 this document. I'm sorry. Let's go up to page 10,  
22 please.

23 MR. THOMAS: And, Your Honor, I move admit  
24 PTI 602.

25 THE COURT: Any objection?



1 PTI 602 is admitted.

2 (APTI 602 was admitted.)

3 BY MR. THOMAS:

4 Q. Okay. And, Dr. Libicki, if you'll just bear  
5 with for me for a moment. There is language in here  
6 that I want to ask you about, but I can't find it.

7 Okay. Yes. So I want to talk to you about the  
8 third paragraph that starts with "Though the AIRPACT  
9 model includes"; do you see that?

10 A. Yes.

11 Q. Can you please read that sentence into the  
12 record for me?

13 A. "Though the AIRPACT model includes emissions  
14 from major point sources, it does not resolve the plumes  
15 from near-source impacts. Point source emissions are  
16 significantly dispersed over the 16 kilometer square  
17 grid cell in which the emissions originate. As a  
18 result, model concentrations from these point sources  
19 will have low concentration gradients through the area  
20 of interest. In addition" --

21 Q. Thank you so much.

22 I want to ask you about that first sentence  
23 where it says "It does not resolve the plumes from  
24 near-source impacts."

25 Would it be far to say that this language means

1 that the data that you used for your NAAQS analysis does  
2 not address near-source impacts?

3 A. What it says is that if I have a large source of  
4 emissions immediately adjacent to my site, this model  
5 wouldn't necessarily resolve. And so it's not quite as  
6 blanket as it seems. And, in fact, you know, they  
7 qualify that by -- you know, by talking about large  
8 stationary sources. And then they give kind of reason  
9 for those large stationary sources. So this is a very  
10 specific comment on their part.

11 MR. THOMAS: Okay. And, Mr. Perloff, we can  
12 take that down for a moment.

13 BY MR. THOMAS:

14 Q. And then just following up on -- on what you  
15 said there, Dr. Libicki.

16 What types of sources and activities are in the  
17 vicinity of Tacoma LNG that generate PM 2.5, if you  
18 know?

19 A. You know, we actually did an NEI assessment of  
20 that to look at that, and I just don't recall right now.

21 Q. How about SO2?

22 A. Same.

23 Q. Same for NOx?

24 A. Yes. We looked at it and I just don't recall  
25 what they are right now.

1 Q. Same for CO?

2 A. That's correct.

3 Q. Do you discuss your use of northwest AIRQUEST  
4 with Puget Sound Clean Air Agency before doing your  
5 modeling work in this case?

6 THE COURT: I'm sorry, Ms. Mallick. I  
7 didn't hear your objection.

8 MS. WATKINS MALLICK: Objection. Relevance.

9 THE COURT: Mr. Thomas?

10 MR. THOMAS: Well, I mean, I could go back  
11 to the guidance document, if I need to. But it's  
12 relevant to know if the work was cleared with the Agency  
13 and deemed to be appropriate by the Agency.

14 MS. WATKINS MALLICK: You're talking about  
15 Dr. Libicki working in the litigation?

16 THE COURT: Right. I don't think it's  
17 relevant.

18 MR. THOMAS: Your Honor, it -- it's simply  
19 asking whether or not the methodology was blessed by the  
20 Agency before she undertook it. It's quite relevant.

21 THE COURT: This is an appeal of a permit  
22 issued by the Agency. This is an expert witness on  
23 behalf of a different party in that litigation. So  
24 whether the Agency approved of that or not is not  
25 relevant to the issues before this Board.

1 MR. THOMAS: Okay.

2 BY MR. THOMAS:

3 Q. Would it be fair to say -- and I think you said  
4 this a few minutes ago -- that the data used to generate  
5 the design values in northwest AIRQUEST was collected  
6 between 2014 and 2017; did I hear you say that?

7 A. That's correct.

8 Q. Okay. And you performed your analysis in 2021?

9 A. I want to make sure. It is possible that --  
10 that some part of it was performed prior -- in 2020  
11 before. But some was performed in 2021, if not all.

12 Q. To your knowledge, does the guidance we were  
13 just looking at speak to the appropriateness of using  
14 Northwest AIRQUEST all these years after 2017?

15 A. I don't recall that.

16 Q. Okay.

17 A. And that's -- truly, I don't recall. I don't  
18 remember either way.

19 Q. Okay. Would you say that National Ambient Air  
20 Quality Standards constitute federal standards?

21 A. So National Ambient Air Quality Standards are,  
22 by definition, federal standards; however, many states  
23 have adopted them.

24 Q. Is it your view that the Northwest AIRQUEST  
25 design values can be used for determining compliance

1 with federal standards?

2 MS. WATKINS MALLICK: Objection to the  
3 extent it calls for a legal conclusion.

4 THE COURT: I'm going to allow her to answer  
5 with her knowledge.

6 THE WITNESS: I'm going to have to guess  
7 this refers to the guidance in the document. And the  
8 guidance in the document is explicit to allowing  
9 agencies to determine compliance with SIPS, and  
10 therefore, federal standards.

11 And when I say "specific to," that's how I,  
12 as an air quality professional, read it. Not that it  
13 says it in the guidance itself. If you would like to  
14 put up the guidance, we can go through it.

15 BY MR. THOMAS:

16 Q. All right. Yeah, let's take a quick look on  
17 this point. Let's go back to the guidance.

18 MR. THOMAS: 602, Mr. Perloff, and let's  
19 look at page 9.

20 BY MR. THOMAS:

21 Q. And let's look a little over halfway down the  
22 page. And do you see the question? Can these data be  
23 used for determining compliance with federal standards?

24 A. I do.

25 Q. Do you see that it says "no"?

1 A. Again, this is not --

2 Q. Dr. Libicki --

3 A. I'm sorry. If I can just finish this,  
4 because -- because you are asking me a specific  
5 question. And -- and this specifically says that --  
6 that the data are gathered differently than official  
7 numbers for various reasons, and that's important.  
8 And -- and what this really means is that it is  
9 explicitly stating that an agency may not use it to show  
10 compliance with federal standards. And not that this  
11 can't be used in permitting, because later on the  
12 guidance explicitly says this is for minor source  
13 permitting.

14 Q. Did you draft this guidance document?

15 A. I did not.

16 Q. All right. And in utilizing these design values  
17 discussed in this guidance document, you still need to  
18 comply with the Appendix W, do you not?

19 A. It depends on the purpose that it is used for.  
20 Appendix W has a number of guidance -- has guidance for  
21 different modeling purposes. So you certainly don't  
22 have to comply with parts of Appendix W that aren't  
23 relevant to this.

24 MR. THOMAS: Mr. Perloff, if we could go  
25 down to the next page, please.

1 BY MR. THOMAS:

2 Q. Okay. And do you -- do you see the portion at  
3 the top, Dr. Libicki, referring to Section 8.3 (b) -- or  
4 8.3.3(b) of Appendix W?

5 A. I do.

6 Q. Would it be fair to say that that portion of  
7 Appendix W can be complied with?

8 A. Can we look at the paragraph after that? I just  
9 don't remember the guidance perfectly, and I want to  
10 make sure I've got it all.

11 MS. WATKINS MALLICK: Dr. Libicki, I just  
12 want to put an objection on the record that we're  
13 calling out a section of Appendix W that we haven't  
14 looked at and is not sitting before the witness. And so  
15 it is not clear to me what Section 8.3.3(b) of  
16 Appendix W refers to.

17 Dr. Libicki has already testified that  
18 Appendix W applies for multiple purposes, and so it's  
19 not clear to me that this section of Appendix W  
20 necessarily is applicable here.

21 MR. THOMAS: Your Honor --

22 THE COURT: Mr. Thomas.

23 MR. THOMAS: Yeah, Ms. Mallick was allowed  
24 to elicit a great deal of testimony from Dr. Libicki,  
25 who is an expert in air dispersion modeling. And she's

1 qualified to speak to the applicability of Appendix W.  
2 Ms. Mallick was allowed to explore that at great depth.  
3 This is a very simple straightforward question.

4 MS. WATKINS MALLICK: Yeah. My objection  
5 was more specific to the fact that Dr. Libicki does not  
6 have this in front of her and it is extremely long. And  
7 as she said, it is very broad in its scope. And so I  
8 just want to make sure she's not asked a misleading  
9 question about what Appendix W says in certain  
10 circumstances without being able to see what  
11 Section 8.3.3(b) pertains to.

12 THE COURT: With all of this, I have  
13 forgotten the question.

14 Mr. Thomas, do you have the question in  
15 front of you?

16 MR. THOMAS: Yes, Your Honor. It was just a  
17 follow-up to what Dr. Libicki was saying that only  
18 portions of Appendix W, you know, per this document  
19 would apply. And I'm just simply asking that based on  
20 the language that we're looking at within this guidance  
21 document, is it her view that Section 8.3.3(b) is one of  
22 them.

23 THE COURT: I'm going to allow it to the  
24 extent that she knows.

25 THE WITNESS: So as I was beginning to



1 answering the question but stuttering a little bit, what  
2 I was going to say was I really want to see  
3 Section 8.3.3(b) of Appendix W to see to what it refers.

4 BY MR. THOMAS:

5 Q. Okay. All right. Well, then perhaps you can  
6 discuss that with Ms. Mallick. I'll move on and take a  
7 look at your written direct.

8 MR. THOMAS: So, Mr. Perloff, if you could  
9 take this exhibit down, please.

10 BY MR. THOMAS:

11 Q. And, Dr. Libicki, if we could take a look at  
12 153, lines 11 to 13.

13 A. I'm sorry. Lines -- what did you say it was?

14 Q. Eleven to 13.

15 A. Eleven to 13.

16 Q. Do you see where you say "I have permitted  
17 enclosed ground flares for landfills and regenerative  
18 thermal oxidizers for a number of minor sources."

19 Do you see that?

20 A. Yes.

21 Q. Based on this, would it be fair to say that you  
22 recognized enclosed ground flares and thermal oxidizers  
23 are not the same thing?

24 A. No, not necessarily.

25 Q. Okay. And then your testimony just below, just

1 to quickly confirm and if you can keep looking at your  
2 testimony, it's talking about CEMS.

3 Does that indicate that you're also aware of  
4 CEMS being utilized on minor sources like Tacoma LNG  
5 purports to be?

6 A. So, unfortunately, we have to get into what  
7 constitutes a minor source. The --

8 Q. Dr. Libicki -- I'm sorry. All of the -- I'm  
9 just asking you about your testimony. And it seems to  
10 indicate that you're aware of CEMS being utilized on  
11 minor sources. That was my question.

12 A. What I was going to say is that it depends on  
13 what constitutes a minor source. When I put this up  
14 here, what I was referring to were sources that are  
15 above four tons per year of NOx in the South Coast Air  
16 Quality Management District, which are in the reclaim  
17 program. And in order to be in the reclaim program, you  
18 have to have a CEMS for NOx.

19 I put down minor source as a shorthand. I  
20 believe that they are minor sources according to the  
21 Clean Air Act, but South Coast has such low levels. I'm  
22 not positive. That's what I was referring to when I  
23 said "minor sources."

24 Q. All right. I appreciate that. Let's take a  
25 look -- same document. Let's go up to page 7 and let's

1 take a look at lines 20 to 21, please.

2 A. Page 7 of my pre-filed testimony; is that right?

3 Q. Yep. Very early on in the pre-filed testimony.

4 A. Okay.

5 Q. And I'm looking at lines 20 to 21, do you see  
6 where it says, quote, "Dr. Sahu's approach for  
7 calculating sulfur emissions would have resulted in a  
8 less stringent dioxide limit"?

9 A. Yes.

10 Q. Okay. I don't think you've accurately  
11 characterized what Dr. Sahu is saying, but let me ask  
12 you this.

13 Do you understand that the Williams Pipeline  
14 tariff for total sulfur is 5 grains per standard cubic  
15 feet?

16 A. That's my recollection. I think it is total  
17 sulfur as H<sub>2</sub>S, I think, but I'm not positive. I don't  
18 recall.

19 Q. If Tacoma LNG's incoming feed gas contains 5  
20 grains of sulfur for a hundred standard cubic feet as  
21 allowed by pipeline tariff, in your opinion, will Tacoma  
22 LNG's flare emissions cause or contribute to an NOx  
23 violation?

24 MS. WATKINS MALLICK: I'm going to object  
25 here. Foundation. Also, to the extent that Mr. Thomas

1 is asking about a future -- a potential future violation  
2 of the facility's permit requirements.

3 THE COURT: Mr. Thomas?

4 MR. THOMAS: Your Honor, Ms. Mallick  
5 discussed, at length, what the facility will do in terms  
6 of whether or not it's -- it's going to violate NAAQS.  
7 And I'm asking an expert witness a hypothetical about an  
8 input to the facility. It's well within what was  
9 discussed by Ms. Mallick.

10 THE COURT: I'll allow it.

11 THE WITNESS: Okay. So let me make sure I  
12 understand your hypothetical, just to make sure.

13 So you are saying if the gas came into the  
14 facility with 5 grain sulfur, would the facility violate  
15 the National Ambient Air Quality Standards; is that your  
16 question?

17 BY MR. THOMAS:

18 Q. Yeah.

19 A. There's a couple pieces in that hypothetical  
20 that I unfortunately don't know. And here they are.

21 Q. Okay.

22 A. I don't know how the sulfur splits; in other  
23 words, what sulfur constituents are coming in. Because  
24 some sulfur will come go off into the heavies, and that  
25 sulfur will never go to the flare.

1 I don't know the meteorology at the time that  
2 that sulfur comes in, because remember, this is the  
3 worst -- the sulfur dioxide standards are short-term  
4 standards. The way the modeling is conducted, it takes  
5 the worst meteorology combined with the greatest  
6 emission rate and calculates a value. So these are very  
7 conservative calculations. And they are done in order  
8 to be conservative for permitting.

9 You asked me the hypothetical, if it came in at  
10 5 grains of sulfur, would it violate the National  
11 Ambient Air Quality Standard?

12 And the short answer is I can't calculate that.  
13 The longer answer is I would have to run a model to look  
14 at the statistics, but based on my experience with this,  
15 it doesn't seem very likely. Just because the  
16 meteorology has to coincide directly with that input and  
17 the sulfur cannot have partitioned substantially into  
18 the heavies.

19 So the short answer is that's an unanswerable  
20 hypothetical. The longer answer is statistically it  
21 doesn't seem very likely, but I would have to run some  
22 models to really get an answer to that.

23 Q. Okay. And I appreciate that.

24 Did Ramboll model impacts using that 5-grains-  
25 per-hundred-cubic-feet scenario?

1 A. No, we did not.

2 Q. And just assuming for a moment that if that  
3 scenario did cause or contribute to a violation, would  
4 it be fair to say that no one would know because there  
5 is no CEMS on the flare?

6 MS. WATKINS MALLICK: I'm going to object to  
7 that question to the extent that it's asking Dr. Libicki  
8 about whether anyone would know exactly what was  
9 happening at any given point in time. And Dr. Libicki  
10 is not here to testify about Tacoma LNG's future plans  
11 for testing or monitoring.

12 THE COURT: Mr. Thomas?

13 MR. THOMAS: Your Honor, I just -- I just  
14 talked about CEMS with Dr. Libicki, which is discussed  
15 in her pre-filed testimony. She discussed CEMS a little  
16 bit with Ms. Mallick on direct. And I'm -- I'm asking  
17 an expert what her opinion is on this.

18 THE COURT: I'm going to allow it.

19 THE WITNESS: Okay. Forgive me. I need the  
20 question again.

21 MR. THOMAS: Absolutely.

22 BY MR. THOMAS:

23 Q. We were just talking about that hypothetical and  
24 if that scenario did cause or contribute to a NAAQS  
25 violation from Tacoma LNG, would it be fair to say that

1 no one would know because there is no CEMS located -- no  
2 SO2 CEMS located on the flare?

3 A. So let's remember what I talked about, is that  
4 even if there were an SO2 CEMS located on the flare,  
5 that the emissions are not violations of ambient air  
6 quality standards. The emissions plus the meteorology  
7 plus the dispersion model are what you use to evaluate  
8 whether a standard is violated.

9 So -- so even a CEMS, unfortunately, wouldn't  
10 really tell you if you have an impact greater than the  
11 NAAQS. That's what modeling is for. And modeling is  
12 again designed to provided a conservative estimate.

13 Q. As you sit here right now, do you have an  
14 opinion as to whether or not 5 grains sulfur per hundred  
15 standard cubic feet would result in a violation of the  
16 Order of Approval's Condition No. 16?

17 MS. WATKINS MALLICK: I object to the extent  
18 that this is outside the scope of Dr. Libicki's  
19 opinions.

20 THE COURT: Mr. Thomas?

21 MR. THOMAS: Your Honor, first of all, I  
22 mean, Mr. Frank was asking very -- very similar  
23 questions about violating the permit all year long.  
24 Ms. Mallick and Dr. Libicki did cover permit compliance,  
25 and it is also covered in Dr. Libicki's written direct.

1 I'm simply asking her opinion on whether or not maximum  
2 sulfur in the feed gas in her opinions would result in a  
3 violation of the permit condition.

4 THE COURT: I think -- you changed your --  
5 your hypothetical right there. So I'm going to allow  
6 it, but I think we need to stick with cross-examination  
7 of her actual testimony.

8 MR. THOMAS: Thank you, Your Honor. I'll  
9 ask once more.

10 BY MR. THOMAS:

11 Q. Dr. Libicki, given the testimony that -- that  
12 you've provided both written, and oral this afternoon,  
13 regarding compliance with permit conditions, I'm  
14 wondering if we have 5 grains of sulfur per hundred  
15 standard cubic feet, do you have an opinion on whether  
16 or not Tacoma LNG's flare will emit SO2 in excess of the  
17 permit Condition 16?

18 A. You know, I don't. I would have to see how that  
19 partitioned in the system. I'm sorry. There's too much  
20 upstream for me think about that. Incidentally, when I  
21 was answering my other question, I left processing rate  
22 out of one of the answers to whether it would violate an  
23 ambient air quality standard, so I apologize for that.

24 Q. All right. Let's change gears a little bit and  
25 talk about fugitive emission factors. And you discussed



1 with Ms. Mallick comparisons between EPA and SCAQMD  
2 emission factors.

3 Do you recall that?

4 A. Yes, I do.

5 Q. And those SCAQMD emission factors are for  
6 marketing terminals, slash, depots.

7 Do I recall that correct?

8 A. That's my recollection.

9 Q. And what are marketing terminals, slash, depots?

10 A. I understand it to be where fuel is moved.

11 Q. Where fuel is moved?

12 A. Okay.

13 Q. Not where fuel is made?

14 A. Again, marketing terminal/depot, that's  
15 generally where fuel is moved.

16 Q. Okay. And are the SCAQMD and EPA fugitive  
17 emission factors based on the same definition of  
18 volatile organic compound, if you know?

19 A. It's not very clear. South Coast says that  
20 their factors exclude ethane and methane. We have in  
21 the past and actually through this case tried to  
22 evaluate the source of the South Coast emission factors.  
23 And when we have proposed -- presented to them a Public  
24 Records Act request, which is in California the version  
25 of FOIA, and asked them what those factors were based